



CSU
College of Law Library

Cleveland State University
EngagedScholarship@CSU

Sam Sheppard's Injuries

2000 Trial Expert Reports and Tests

2-1-2000

Dr. Robert White Deposition

Cuyahoga County Court of Common Pleas

Follow this and additional works at: https://engagedscholarship.csuohio.edu/sams_injuries

How does access to this work benefit you? Let us know!

Recommended Citation

Cuyahoga County Court of Common Pleas, "Dr. Robert White Deposition" (2000). *Sam Sheppard's Injuries*.
1.

https://engagedscholarship.csuohio.edu/sams_injuries/1

This Dr. Robert J. White is brought to you for free and open access by the 2000 Trial Expert Reports and Tests at EngagedScholarship@CSU. It has been accepted for inclusion in Sam Sheppard's Injuries by an authorized administrator of EngagedScholarship@CSU. For more information, please contact library.es@csuohio.edu.

1 State of Ohio,)

2 County of Cuyahoga.)

3 - - -

4 IN THE COURT OF COMMON PLEAS

5 - - -

6 ALAN DAVIS, et al.,)

7 Plaintiffs,)

8 v.)

9 STATE OF OHIO,)

10 Defendant.)

Case No. 312322
Judge Ronald Suster

11 - - -

12 THE DEPOSITION OF ROBERT J. WHITE, M.D.

13 MONDAY, FEBRUARY 1, 2000

14 - - -

15 The deposition of ROBERT J. WHITE, M.D., a witness
16 herein, called for examination by the Plaintiffs, under
17 the Ohio Rules of Civil Procedure, taken before me,
18 Lauren I. Zigmont-Miller, Registered Professional
19 Reporter and Notary Public in and for the State of
20 Ohio, pursuant to notice, at MetroHealth Medical
21 Center, 2500 MetroHealth Drive, Cleveland, Ohio,
22 commencing at 10:15 a.m., the day and date above set
23 forth.

24 - - -

25

1 APPEARANCES:

2

3 On behalf of the Plaintiffs:

4 TERRY GILBERT, ESQ.
Friedman & Gilbert
5 1700 Standard Building
1370 Ontario Street
6 Cleveland, Ohio 44113
(216) 241-1430

7

8

On behalf of the Defendant:

9

STEVEN DEVER, ESQ.
10 Cuyahoga County Prosecutor's Office
The Justice Center, Courts Tower
11 1200 Ontario Street
Cleveland, Ohio 44113
12 (216) 443-7817

13

- - -

14

15

16

17

18

19

20

21

22

23

24

25

1	INDEX	
2		PAGES
3		
4	CROSS-EXAMINATION BY	
5	MR. GILBERT	4
6		
7		
8	- - -	
9		
10		
11	PLAINTIFFS' EXHIBITS MARKED	
12	1 and 2	4
13	3 and 4	33
14	5 and 6	34
15		
16		
17		
18	- - -	
19		
20		
21	OBJECTIONS BY	
22	MR. DEVER	16, 17, 43, 71
23		
24		
25	- - -	

1 (Thereupon, Plaintiffs' Exhibits 1 and 2
2 to the deposition of Robert J. White,
3 M.D., were marked for purposes of
4 identification.)

5 - - -

6 ROBERT J. WHITE, M.D.,
7 a witness herein, called for examination by the
8 Plaintiffs, under the Rules, having been first duly
9 sworn, as hereinafter certified, deposed and said as
10 follows:

11 CROSS-EXAMINATION

12 BY MR. GILBERT:

13 Q. Good morning, Dr. White. My name is Terry
14 Gilbert as you know and we're going to be conducting
15 your deposition today in connection with this case.

16 Would you please state your full name
17 for the record?

18 A. Dr. Robert J. White.

19 Q. Where do you currently reside, Dr. White?

20 A. Well, my home address is 2895 Lee Road,
21 Shaker Heights, Ohio.

22 Q. Are you currently employed?

23 A. No.

24 Q. Have you formerly been employed?

25 A. Yes.

1 Q. And where was that?

2 A. Well, I was employed by Case Western
3 Reserve University Medical School and the MetroHealth
4 Medical Center.

5 Q. What is your occupation?

6 A. I'm a neurosurgeon.

7 Q. Are you retired at the present time, Dr.
8 White?

9 A. From practice, yes.

10 Q. When did you retire?

11 A. July of 1998.

12 Q. That was from both positions, at Metro and
13 Case Western Reserve University?

14 A. No, just from Case, as the director of
15 neurosurgery at this institution.

16 Q. Your last position was director of
17 neurosurgery?

18 A. Director of neurosurgery at MetroHealth
19 Medical Center and director of the brain research
20 laboratory.

21 Q. How long did you hold that position?

22 A. Since 1961.

23 Q. So the director of neurosurgery was since
24 1961?

25 A. Yes, sir.

1 Q. And the brain research laboratory, that
2 position --

3 A. Same length of time.

4 Q. All right. So there were no changes in
5 your title from 1961 until your retirement in 1998?

6 A. Not at this institution.

7 Q. What other positions did you hold?

8 A. Well, I was the co-chairman of
9 neurosurgery at Case Western Reserve for a number of
10 years.

11 Q. What years was that?

12 A. Well, I don't know exactly. I would
13 suspect that was probably in the 1970s for about
14 probably ten years.

15 Q. Now, showing you what's been marked as
16 Plaintiffs' Exhibit 2. This is a professional
17 biographical sketch that was provided to me regarding
18 your background; is that correct?

19 A. Yes, sir.

20 Q. Are there any changes that you would like
21 to add or any corrections in that document at this
22 time?

23 A. Well, I don't want to read the entire
24 thing. As it sets out and looking at the paragraphs
25 and scanning them, no, sir.

1 Q. You have been listed as an expert witness
2 in connection with this case; is that correct?

3 A. That's my understanding.

4 Q. Can you tell me how it came about that you
5 were contacted in regard to your role in this case?

6 A. I think the prosecutor's office inquired,
7 I think by phone, my availability.

8 Q. What was it that they asked you?

9 A. Well, they may have been aware that I have
10 spent a good deal of my career caring for people with
11 head injuries and spinal cord injuries, and I can only
12 presume that they thought in consideration of Dr.
13 Sheppard's problems that perhaps it might be well to
14 have somebody who has concentrated in these areas in
15 their career to at least review the records.

16 Q. What did they specifically ask you to
17 address?

18 A. Well, it was the hospital record of his
19 admission and the consultations, in other words, to
20 focus on the medical aspects of the case.

21 Q. Were you asked to look at anything else in
22 connection with this matter other than the injuries as
23 reflected in Dr. Sheppard's admission after July 4th of
24 1954? Were you asked to look at anything else?

25 A. Yes. I was asked to look at the testimony

1 contained in the court records that reflected the
2 details that had to do with the 4th of July and the
3 interviews that were taken with the various physicians
4 and so forth who were involved, some, but not all of
5 them, who had actually examined Dr. Sheppard in the
6 hospital.

7 Q. Were you asked to look at the injuries of
8 anyone else connected to this case?

9 A. No.

10 Q. I just want to make sure what the subject
11 areas of your work on this case is confined to, the
12 injuries or lack of injuries sustained by Dr. Sheppard
13 from July 4th, 1954; is that correct?

14 A. Yes, and then, of course, what followed.

15 Q. Exactly. So July 4th and beyond?

16 A. Yes, sir.

17 Q. But connected to the injuries supposedly
18 sustained by him in connection with the events
19 surrounding the murder of his wife?

20 A. Yes, sir.

21 Q. You were not called upon to look at
22 Marilyn Sheppard's injuries that caused her death?

23 A. No, sir.

24 Q. Now, since July 1998 how have you been
25 spending your time in retirement?

1 A. Consultations, teaching, lecturing and
2 doing what we call visiting professorships.

3 Q. Is there any particular area of
4 concentration in the overall discipline of neurosurgery
5 that you are interested in concentrating on at the
6 present time?

7 A. Well, there's a continuation of my
8 interest in central nervous system trauma.

9 Q. I assume you've authored articles, written
10 extensively in the area of neurosurgery; is that
11 correct?

12 A. At the present -- I think this single page
13 doesn't reflect it -- there are about 800 articles that
14 have been written.

15 Q. Have you ever served as an expert witness
16 before?

17 A. Yes.

18 Q. In litigation?

19 A. Yes.

20 Q. When was the last time you did that?

21 A. I'm not totally sure, Mr. Gilbert. I
22 don't do it very often. I'm involved in a case now,
23 but it has to do with a physician's curriculum vitae,
24 it has to do with a physician, it has nothing to do
25 with expertise. The reason I'm an expert is simply

1 because of the years that I've done academic medicine,
2 but it has nothing to do with the injury side of
3 things.

4 But there have been situations in which
5 I was brought in as the expert witness on cases, for
6 example, spinal problems. One I recall was for the
7 Mayfield Clinic and the University of Cincinnati some
8 years ago. As a matter of fact, I represented Dr.
9 Mayfield himself.

10 Q. What was that case about?

11 A. It was about a physician who had been
12 operated on by a member of the Mayfield Clinic staff,
13 and Dr. Mayfield himself came late to the operation,
14 and the case had to do with whether or not some harm
15 had been caused as a result of the operation.

16 Q. Was it a medical malpractice situation?

17 A. Yes.

18 Q. And it was a case that was in litigation?

19 A. Yes.

20 Q. And you were called upon as an expert for
21 which side?

22 A. For the physician's, the doctor.

23 Q. In other words, the defense of the
24 standard of care by the physicians that were being
25 sued?

1 A. Yes.

2 Q. Any other cases that you can remember in
3 recent years that you've provided expert testimony?

4 A. Yes. I've reviewed cases for the
5 Cleveland Clinic, but in those cases I think they were
6 of the head and had to do with surgery for tumors and
7 things of that order. I think I was involved for a
8 patient that had a gunshot wound, and I think -- we're
9 talking about a three decade span here. I think I was
10 involved for a disk problem where I was supporting the
11 patient who turned out to be a patient of mine.

12 My activities -- then there's one more
13 recent one -- have had to do with injury cases in which
14 I've operated on, patients of mine. So they're not
15 strictly malpractice, they were basically for insurance
16 purposes and so forth.

17 Q. Have you ever testified in a criminal
18 case?

19 A. No.

20 Q. Have you ever been an expert witness in a
21 lawsuit or court case involving the mechanism of head
22 trauma?

23 A. Yes.

24 Q. Can you recall what that case was?

25 A. Well, this was the bullet wound to the

1 head.

2 Q. And that was when?

3 A. Actually, your Congressman Sweeney who
4 later became a commissioner was the lawyer on the case
5 for the young man. Gosh, we're talking about probably
6 the '70s.

7 Q. That was Bob Sweeney, right?

8 A. Yes. His father, I think, was also a
9 congressman. Didn't he finish out his father's seat in
10 congress, I think?

11 Q. I don't know. That's an interesting
12 question. We could talk about that later, I suppose.

13 A. Well, at any rate, he was the attorney who
14 was defending this patient of mine.

15 Q. All right. And what were the issues in
16 that case, generally speaking?

17 A. I think that the young man had gone in to
18 make a purchase at a small store and there was some
19 disagreement between the son who was manning the store
20 as to some small purchase, and at that time I think the
21 patient was a high school student, quite an athlete,
22 and his father came down and was discussing it with his
23 son, the young man had left, and then, as I remember
24 the details, shot the boy.

25 Q. And what was the medical issue in that

1 case?

2 A. Well, the medical issue was that they had
3 an insurance policy, and the attempt here was to obtain
4 for the young man, my patient who had been shot, the
5 financing from that particular insurance policy that I
6 presume covered the grocery store. I don't know the
7 details of how it was written and so forth, but Sweeney
8 had taken this case for the young man.

9 Q. But what was the medical question?

10 A. Well, the medical question for me was
11 merely to present to the jury what the details were in
12 regard to the injuries, what surgical techniques had to
13 be carried out and so forth. So I was just, you know,
14 physician of record.

15 Q. So this had to do with what surgical
16 procedures were done in order to provide treatment to
17 this --

18 A. And the extent of his injuries.

19 Q. This was a wound to the head?

20 A. Yes.

21 Q. Do you ever recall testifying in any
22 matter or providing expert witness report in any matter
23 regarding the mechanism or cause of blunt force head
24 injuries?

25 A. Yes. I had a relatively recent case where

1 a limb of a tree fell on a young man who was again my
2 patient and required multiple surgeries and survived.
3 Under those circumstances I became involved in
4 testifying as to the extent of injuries, the surgical
5 details and what the expected results would be.

6 Q. Do you remember the name of the case?

7 A. Oh, I can get that for you.

8 Q. It was in court?

9 A. Yes, it was. It was in court and I gave
10 my deposition.

11 Q. Any other --

12 A. Reed was -- the last name was Reed.

13 Q. Reed?

14 A. R-E-E-D.

15 Q. Can you remember who the lawyer was?

16 A. No. I have a bad memory for lawyers.

17 Q. When was this case?

18 A. This was within the last few years.

19 Q. Do you remember what court it was?

20 A. No, sir, I don't. I just gave my
21 deposition, which was relatively extensive.

22 Q. Have you ever been called upon to look at
23 historic cases and reflect on and offer an opinion
24 regarding the nature of injuries?

25 A. I don't think so. At least not to my

1 knowledge.

2 Q. In regard to the case of Dr. Sam Sheppard,
3 prior to being contacted in this case had you been
4 familiar with the Sheppard case?

5 A. Not really. I came with my family here in
6 '61, and, as I understand the dates, this was something
7 that took place in the '50s. But there were a number
8 of neurosurgeons in the community who would certainly
9 have knowledge of the case, but this wasn't, as I
10 recall, something that was cocktail fare. The issue
11 was over and done with.

12 Then when the second trial came along,
13 once again I don't recall there was all that much
14 interest. Now, I did read and have reread most, if not
15 all, of the books that are available that have been
16 written.

17 Q. What books have you read?

18 A. I'm trying to think. The one which is --
19 his son was involved. Most of these had to do with, I
20 think, reporters, people that came through -- there was
21 one by Dorothy Kilgallen. I can't remember exactly.
22 The three or four that are now in pocket paperback
23 edition, I read them.

24 Q. And did you read these books before you
25 were contacted?

1 A. Yes. I just read them when I was here in
2 Cleveland. My wife is interested in the case, she's
3 very much interested in the court and lawyers and all
4 that.

5 Q. Is she offering an opinion on this case?

6 MR. DEVER: I object.

7 A. I don't think so.

8 Q. She hasn't?

9 A. No.

10 Q. Have you ever offered an opinion on this
11 case other than your expert --

12 A. No.

13 Q. -- as to the guilt or innocence of Dr.
14 Sheppard?

15 A. Well, I never felt I knew enough about the
16 case. I didn't know much more after I read these
17 books.

18 Q. Have you ever met any of the members of
19 the Sheppard family?

20 A. No, sir.

21 Q. Do you have an opinion regarding
22 osteopathic medicine?

23 A. Do I have an opinion? Can we go off the
24 record?

25 Q. Well, I think --

1 MR. DEVER: I'm going to
2 object --

3 A. What I'm saying is is that a proper
4 question?

5 MR. DEVER: There's an
6 objection to it.

7 BY MR. GILBERT:

8 Q. Here are the ground rules here. The
9 lawyers can object, but you have to answer the
10 question, and then a judge will rule later whether it's
11 admissible.

12 A. Well, the question was do I have an
13 opinion on osteopathic medicine, and I suppose an
14 answer to that is yes.

15 Q. And what is your opinion?

16 A. Well, osteopathic medicine is a form of
17 medical practice that appears to be well-established
18 here in the United States and, as I view it, has become
19 more and more like allopathic medicine.

20 Q. Which is what?

21 A. Allopathic medicine is the traditional
22 form of medicine that's practiced here by people
23 receiving medical degrees.

24 So I guess what I'm saying is over the
25 years it seems to me that more and more of the young

1 men and women trained in osteopathic medicine have
2 become part of organized medicine in this country and
3 practice in allopathic institutes.

4 Q. Have you ever expressed a negative opinion
5 regarding osteopathic medicine?

6 A. Yes, I have.

7 Q. And what was that?

8 A. Well, I don't think that the osteopathic
9 institutions that train young men and women in
10 osteopathic medicine or osteopathic institutes since
11 they have their own hospitals and clinics are really
12 the equal of what I choose to call American medicine,
13 which is allopathic.

14 Q. Did you know Dr. Sam Gerber?

15 A. I've met Dr. Gerber, but I don't think I
16 could really argue, Counselor, that I knew him.

17 Q. Do you remember --

18 A. I knew of him. I think I was introduced
19 to him on several occasions at social functions.

20 Q. So you never had any kind of a
21 professional interaction with Dr. Gerber?

22 A. No, sir.

23 Q. Did you ever talk to Dr. Gerber about the
24 Sheppard case?

25 A. No, sir. I had no professional contact

1 with him.

2 Q. But I mean --

3 A. Even socially, no.

4 Q. Even socially did you ever talk about the
5 case?

6 A. No, sir.

7 Q. In connection with your services in this
8 case have you been paid a fee?

9 A. I have not submitted a bill.

10 Q. Do you intend to submit a bill?

11 A. Yes, I do.

12 Q. And how is it that you charge for your
13 service?

14 A. Well, in some cases I don't charge
15 anything and in some cases I charge considerably.

16 Q. Well, what is your intent in this case, if
17 you've decided that yet?

18 A. No, I haven't decided.

19 Q. So you're not sure what you're going to do
20 in terms of charging a fee?

21 A. Well, I've acquainted them what my nominal
22 charges are. Whether or not I've invoked those or not
23 I haven't decided.

24 Q. What are your charges?

25 A. Nominally for a review of records,

1 consultations and so forth and the work that I have to
2 do in charting these and comparing them is \$1,000 an
3 hour.

4 Q. And how many hours have you spent already
5 on this case up to this point?

6 A. Quite a few. 17. No, I'm sorry,
7 Counselor, 17 until I began the second review, which is
8 not totally complete. The basic review of the type
9 we're talking about was 17.

10 Q. What do you mean by the second review?

11 A. Well, I was asked to look more carefully
12 and even more specifically at the hospital chart and
13 the consultations that were part of the chart as well
14 as the extended testimony of those physicians under
15 deposition or testimony in the courtroom. I was asked
16 to look at the situation as far as Dr. Sheppard was
17 concerned at the time of his injury, specifically at
18 the time of injury, what the records reflected in the
19 most detail of Dr. Sheppard's condition.

20 Q. Did you review any records after you
21 prepared your report, which is Exhibit 1?

22 A. You mean new ones?

23 Q. Yes, additional records since the time
24 that you wrote your report.

25 A. I may have received after this report was

1 prepared a consultation dealing with hypnosis and an
2 extensive phone conversation that a Mr. Mahod took of
3 Dr. Elkins. There was a phone -- I remember -- here, I
4 think I received that afterwards, and this was a phone
5 call I presume from a lawyer, McMann.

6 Q. What was the business with this hypnosis
7 that you're talking about?

8 A. Well, as I understand it, Dr. Sheppard
9 underwent hypnosis, and under that form of therapy or
10 treatment or diagnostic procedure was an attempt to
11 have him recall the details of that particular tragedy.

12 Q. And what was your response to that?

13 A. Well, two things, Counselor. First of
14 all, I'm not an expert in medical hypnosis, and,
15 second, as by far the majority of practicing American
16 physicians, we do not approve of it.

17 Q. What document did you receive that
18 reflects that Dr. Sheppard underwent hypnosis?

19 A. Well, I had hoped that it was here amongst
20 the papers, but I may have to search.

21 THE WITNESS: As I recall,
22 Steve, your office, the prosecutor's
23 office sent me a document which
24 represented a consultation on the part of
25 the physician who had placed Sam under

1 hypnosis, as I recall.

2 BY MR. GILBERT:

3 Q. And you don't remember what that document
4 was, who authored it, whether it was an official
5 report, whether it was a magazine article or anything
6 like that?

7 A. No. I got the impression it was done in
8 somebody's office. It was professional, that was my
9 understanding.

10 Q. So other than that and this memo regarding
11 Dr. Elkins was there anything else that you received
12 since you did your report?

13 A. Well, I got a letter that you had sent to
14 Dean which listed some other people's background you
15 wanted in regard to tapes and transcripts, then I was
16 sent another copy of nurse's notes, which I already
17 had, and then I was given the front sheet for
18 temperature and pulse and so forth, which I already
19 had, then this interview phone call with Charles W.
20 Elkins.

21 I did receive a copy of a consultation
22 done on August 6th by a Dr. Bashline from Grove City,
23 Pennsylvania, but there was no information as to why he
24 was being brought in to examine Dr. Sheppard. Then
25 there was another repeat consultation of Dr. Foster,

1 which we already had. Finally, the spinal fluid
2 report, all of which were basically attached to the
3 record. But I don't know why I don't have the
4 hypnosis.

5 Q. Well, we'll talk about that later. Don't
6 worry about it.

7 Let me ask you this, Plaintiff's
8 Exhibit 1, is that your report in this case?

9 A. Yes.

10 Q. Are there any opinions generally
11 speaking -- I know you'll be able to elaborate on it,
12 but are there any opinions that you intend to talk
13 about or offer not included in this report?

14 A. Well, Counselor, it seems to me that, as I
15 indicated, there appears to be ample evidence in the
16 hospital record that on Dr. Sheppard's appearance at
17 the hospital he did have changes in the face, but on my
18 review of the hospital records -- which to me are the
19 gold standard and the bible of this particular case,
20 are to reflect Dr. Sheppard's injuries -- I have not
21 been able to build a substantial clinical diagnosis for
22 either concussion or for spinal cord contusion, and it
23 seemed to me that the issue for your experts is to
24 provide you with the data.

25 See, I can't find the data in the chart

1 to sustain those two diagnoses, both of which do need a
2 neurosurgeon as an expert in these particular areas. I
3 can't find the data to sustain those.

4 I will mention this, however, as you
5 well know, even Dr. Elkins, who is a neurosurgeon,
6 reversed himself on the diagnosis of spinal cord
7 contusion and changed it to spinal cord concussion
8 stating that he had to feel now that the injury was
9 much less than he originally thought. So even the
10 original diagnosis which appears constantly in the
11 hospital record in a sense has been downgraded even by
12 the specialist who made the diagnosis.

13 Q. Okay. I appreciate your answer, but I
14 wanted -- what I was getting at was, is there anything
15 beyond this report that you're going to be testifying
16 to as far as you know at this time in this case?

17 A. Well, all I can testify to is the
18 neurological examinations and the physical examinations
19 that Sam received when he came to the hospital and
20 during the period that he was in the hospital from the
21 4th through the 8th. I mean, this is the consultations
22 and the hospital record.

23 Q. So your opinions are going to be confined
24 to those issues; is that correct?

25 A. To those issues and also in regard to what

1 the principals have stated in their records, and
2 also -- I keep concentrating on the physicians, but I
3 was asked to make an assessment utilizing the
4 testimonies of others as to how seriously injured Dr.
5 Sheppard had been at the time of the tragedy as
6 reflected in the remarks of himself, his brothers and a
7 few others. I was not -- for example, I was not asked
8 to review the testimony of many of the people that are
9 involved, it was mostly the Sheppard family.

10 Q. And you've expressed the essential opinion
11 that you're going to make in this case -- you were
12 elaborating earlier -- that you have not been able to
13 build a substantial clinical diagnosis for concussion
14 or spinal cord contusion, correct?

15 A. Yes.

16 Q. That is your fundamental opinion in this
17 case; is that correct?

18 A. Yes.

19 Q. Now, showing you what's been marked as
20 Plaintiffs' Exhibit 1, is there any reason why there's
21 no date on that report?

22 A. Well, there should be a date on it.

23 Q. Do you know what the date is that you
24 wrote that report?

25 A. I'll have to check my records. You

1 probably know down there.

2 Q. Well, I'm asking if you know.

3 A. Well, the thing is that we can certainly
4 tell you when this was submitted to the prosecutor's
5 office.

6 Q. Tell me when it was submitted.

7 A. Well, that I don't know, but I think we
8 should be able to tell you that, find out.

9 Q. Well, I wanted to know from you, but
10 that's okay.

11 Is there any reason why you did not
12 sign that report?

13 A. No, there isn't. I have a copy of it here
14 with me and I don't know why I didn't sign it. It's
15 sort of like Dr. Elkins who forgot to date his first
16 consultation in the hospital record.

17 Q. Well, that's fine. I didn't ask you about
18 Dr. Elkins, I asked you about you.

19 A. Well, but neurosurgeons have these
20 problems, we're so busy.

21 Q. Now, let's go over -- you brought a file
22 with you, right?

23 A. A file.

24 Q. Or you brought some documents with you?

25 A. Well, I brought the hospital record.

1 Q. But you have other things in there, right?

2 A. Yes.

3 Q. Why don't we take a look at everything
4 that's in your file. I see some newspaper clippings in
5 your file?

6 A. Yes, I've cut out some of these. I think
7 some of these mention you from time to time. I've
8 taken the clippings out of the --

9 Q. Well, why don't we --

10 A. Do you want those?

11 Q. Sure. I want to know everything that you
12 looked at.

13 MR. DEVER: Doctor, are
14 there any confidential documents, work
15 product documents in your --

16 THE WITNESS: Confidential?

17 MR. DEVER: Yes.

18 THE WITNESS: Well, I can
19 only say that all we have here are
20 extracts, but there are commentaries.

21 BY MR. GILBERT:

22 Q. Well, let's look at all the newspaper
23 clippings.

24 A. I'm looking because I even have one for
25 you from I think the New York Times. Here we go.

1 Q. Doctor, let me ask the questions, okay,
2 and you try to answer to the best of your ability.

3 A. I'll try the best I can. Harvard
4 graduates have problems with questions, we usually ask
5 them.

6 Q. Let me just ask you, you do have a number
7 of articles and opinion pieces and that kind of thing
8 that you clipped out regarding this case; is that
9 correct?

10 A. That's right.

11 Q. Is it customary that an expert witness
12 like yourself would review articles about the case as
13 part of your opinion on a medical matter?

14 A. I have no idea. As you know, I write for
15 legal journals. I have no idea what other people do.
16 I advise physicians on how to conduct themselves.

17 Q. I see here that you have clipped out an
18 article by Brent Larkin. Do you know Brent Larkin?

19 A. Vaguely.

20 Q. Vaguely?

21 A. Vaguely.

22 Q. Did you ever meet him in person?

23 A. Yes. He's a nice person. I don't think
24 he knows who I am, but he's a nice person.

25 Q. And he wrote a piece that you cut out

1 called "Evidence Will Swamp Sheppard's Defenders,"
2 right?

3 A. Yes.

4 Q. And you underlined something in that
5 article, correct? Do you want to look at it?

6 A. He's the editorial director for the Plain
7 Dealer. It says July 5, 1954 was a staged domestic
8 homicide committed by Dr. Sam Sheppard. The date is
9 wrong.

10 Q. That's why you underlined it?

11 A. Yes, wondering how in the newspaper those
12 errors can crop up.

13 I'm doing nothing different in this
14 particular case, Counselor, than I always do. As a
15 non-member of the jury I feel that it's important for
16 me to get as much information as I can.

17 Q. How does that information help you as a
18 retained expert by the prosecutor's office to offer
19 medical opinions in this case?

20 A. Well, it gives me some idea as to how the
21 wind is blowing, what is being done out there. I'm not
22 a member of the jury, and as a consequence I take
23 advantage to read any and all materials that I can.

24 Q. What else do you have in there?

25 A. There is the hypnotic report. It's a

1 little hypnotic in and of itself.

2 Q. Are you referring to a document that says,
3 "How a medical hypnotist unlocked Sam Sheppard's memory
4 of violence by Joseph N. Bell"? Is that the document
5 you're referring to?

6 A. That's the document that was given to me.

7 Q. You would agree this is not a report by a
8 hypnotist, don't you?

9 A. A hypnotist?

10 Q. Is it? Doctor, can you look at that
11 report and try to answer that question, please?

12 A. No. As I've already stated, Counselor,
13 I'm not an expert, nor somebody that's even interested
14 in the field of hypnosis.

15 Q. Can you just answer, you'd agree that that
16 is not a report from a hypnotist?

17 A. Well, I suppose in all honesty I don't
18 know who Joseph N. Bell is, and, therefore, whether
19 he's a reporter or an associate or not, I don't know,
20 but the document had no bearing on my activities in
21 this case.

22 Q. Let's see what else you've got in your
23 file there.

24 MR. DEVER: Why don't you
25 ask him, Terry, and then he'll tell you.

1 I have no intention of pilfering through
2 your various experts' files. You can ask
3 him questions about it.

4 MR. GILBERT: The record
5 should reflect that the rules require if
6 asked that the expert witness provide all
7 the data and source material that he
8 reviewed in connection with the case. I
9 mean, it's standard.

10 MR. DEVER: I understand
11 that, but there's a way to go about doing
12 it. Ask him the questions.

13 BY MR. GILBERT:

14 Q. I want you to identify all the documents
15 that you have in your file, and we'll make copies of
16 them and have them photographed, made part of the
17 record at some point during the deposition.

18 Can you go over all the stuff that you
19 looked at?

20 A. Well, what we're looking at here are
21 materials that -- here we go, these materials were
22 submitted to me from the prosecutor's office.

23 Q. What do you have there?

24 A. What I have here is the first copy of my
25 deposition, which had just a few editorial changes.

1 Q. Can I see that, please?

2 A. Sure.

3 Q. What else?

4 A. Here you are (indicating). Here's some
5 questions that I submitted to the office. Here's a
6 letter from you. Here's your copy of Dr. Fallon's
7 consultation. Here's a rundown on the books that I
8 told you about. This is another working copy of my
9 deposition copy. Here's an online search for the
10 famous fracture, which incidentally as you know did not
11 exist. Here's some more of it if you want. Here's a
12 very interesting article here on current issues in the
13 management of sports-related concussion.

14 Q. What else do you have there?

15 A. I have notes here of mine. I don't know
16 whether you want these or not.

17 Q. Just notes that you took?

18 A. Yes, these are just notes. Then we're
19 down to the hospital record of which you've got
20 millions of copies. You've got this thing here, which
21 is an examination of neurological examinations that
22 have been done by the various consultants and where
23 they differ and where they agree, what the summary is.
24 That will cost you a bit of money.

25 Q. Oh, really?

1 A. That's a lot of work. These are some of
2 the things you and I discussed already. They're either
3 part of the record or those things I talked about, the
4 phone call and so forth.

5 Q. Why don't we have some of these things
6 marked.

7 (Thereupon, Plaintiffs' Exhibit 3 and 4 to
8 the deposition of Robert J. White, M.D.,
9 were marked for purposes of
10 identification.)

11 A. Most of what we're left with here are, as
12 I've said, my own personal notes. And we do have the
13 hospital record. You obviously have an open book as
14 far as my materials are concerned, but they're really
15 prepared for me, Counselor. In going through these
16 records I felt it was necessary to compare these.

17 Q. You're using that --

18 A. Consultations.

19 Q. You're using that as part of your
20 testimony in this case?

21 A. Well, I don't know if it will absolutely
22 be necessary or not.

23 Q. You may be called upon?

24 A. Yes, you may call upon me to indicate what
25 my opinions are of the consultations that were done.

1 Q. This chart will form the basis of the
2 opinions you will express in this case, correct?

3 A. No. All I've done is put it together so
4 I'll remember them, that's all. They're just a better
5 shorthand. They're from the record.

6 MR. GILBERT: Are you going
7 to produce that, Steve?

8 MR. DEVER: Yes, we'll
9 produce it. I would like for him to be
10 able to get it typed up as opposed to --

11 MR. GILBERT: Well, I need to
12 refer to it in the deposition.

13 MR. DEVER: Okay, you can
14 do that.

15 (Thereupon, Plaintiffs' Exhibit 5 and 6 to
16 the deposition of Robert J. White, M.D.,
17 were marked for purposes of
18 identification.)

19 BY MR. GILBERT:

20 Q. Showing you what's been marked as
21 Plaintiffs' Exhibit 3, is this a document that you
22 prepared, excluding the fax sheet?

23 A. Yes.

24 Q. What's the date of that document?

25 A. It doesn't carry a date. It was submitted

1 at the same time that the --

2 Q. You were asked to help the prosecutors
3 formulate areas of questioning of Dr. Steve Sheppard;
4 is that correct?

5 A. Well, it seemed to me that --

6 Q. Is that correct, Doctor?

7 A. That's not correct, no.

8 Q. Okay. What were you asked?

9 A. Well, in my conversations with the
10 prosecutor's office and in bringing them up-to-date
11 with what my analysis had been -- and I think we're
12 talking about November, I'm not a hundred percent
13 sure -- it was suggested perhaps on the basis of what I
14 had told them, my analysis to that point, would it be
15 possible for me to dictate some areas and/or even
16 questions that I had concerns about and might be part
17 of their activities as far as Dr. Steve Sheppard was
18 concerned.

19 Q. This document was a transcription of tape
20 from Dr. White dated January 12, 2000; is that correct?

21 A. Then that would be the date, yes. What's
22 the date on that?

23 Q. January 12, 2000, the upper left-hand
24 corner. I thought this was submitted back in November.
25 Maybe that's when this came through.

1 MR. DEVER: Maybe that's
2 when it was typed up.

3 BY MR. GILBERT:

4 Q. You prepared a tape and at some time later
5 it was transcribed; is that right?

6 A. I guess, yes.

7 Q. You talk about the pre-murder time period
8 in this document, do you not?

9 A. I'm not sure what you mean.

10 Q. You talk about motive in this case?

11 A. Is that what it states?

12 Q. Yes. Do you talk about motive in this
13 case, that you really think it's a sexual one?

14 A. I'll have to see that document to refresh
15 my memory.

16 Q. First look at the first paragraph.

17 A. Yes. I think what I indicate by a sexual
18 one is that I didn't tell you, it was brought out in
19 repeated testimony, including with Dr. Sam himself
20 about infidelity here, that's what I'm referring to.

21 Q. Dr. White, are those matters part of the
22 profession of neurosurgery?

23 A. I'm not sure I understand the question.

24 Q. Regarding criminal motive, is that a
25 matter of expertise of a neurosurgeon?

1 A. No, I don't think we claim that a
2 neurosurgeon is necessarily an expert on the criminal
3 motive.

4 Q. Showing you what's marked as Plaintiffs'
5 Exhibit No. 4, bibliography, what was the purpose of
6 running this search on October 6th, 1999?

7 A. About what?

8 Q. All the books relating to the Sam Sheppard
9 case.

10 A. Well, I thought as long as I was going to
11 have to review the hospital records, or perhaps even
12 after I reviewed the hospital records, I thought it
13 might be appropriate what other people thought that
14 were much closer to the case at the time.

15 Q. You thought it was important to read some
16 of these books in connection with your expert opinions
17 in this case?

18 A. Not necessarily for my expert opinion, but
19 simply to fill myself in to what was the going opinions
20 at that particular time.

21 Q. Let's talk about the specifics of your
22 opinion. By the way, are you familiar with the trauma
23 services unit at Metro Hospital?

24 A. Yes.

25 Q. Are they the primary unit in initial

1 treatment of head injuries from trauma or accidents or
2 violence and that kind of thing?

3 A. I don't believe I understand the question.

4 Q. When somebody suffers an injury to their
5 head and they are brought to Metro Hospital where you
6 were affiliated with for 30-some years, would the
7 trauma unit be the first unit to look at the injuries?

8 A. They would look at all injuries and they
9 would call us immediately, the neurosurgical service,
10 because it's our responsibility to cover for the head
11 injuries.

12 Q. But they would be the first to see --

13 A. No, the emergency medical people.

14 Q. And then they would be the second one?

15 A. Either trauma or emergency medicine would
16 be the first responders.

17 Q. Now, you reviewed the medical records in
18 this case regarding the admission of Dr. Sheppard from
19 July 4th I believe all the way through August 6th; is
20 that correct?

21 A. No. He was in the hospital until the 8th
22 of July and then he was discharged.

23 Q. And then there were other records that you
24 looked at beyond that date, correct?

25 A. Very skimpy. These were not hospital

1 admissions.

2 Q. What were your findings regarding the
3 facial injuries?

4 A. Well, of course I made no findings myself,
5 I had to rely on the testimony of others.

6 Q. Well, you're offering an opinion on that?

7 A. Yes. I said that it appeared that all of
8 the physicians and dentists who had examined Dr. Sam
9 Sheppard on 7-4 had described considerable variation
10 injuries related to the right side of the face.

11 Q. Do you agree that was trauma injuries?

12 A. Well, certainly that would appear to be
13 the most likely cause. Since I did not interrogate Sam
14 myself and had to go by the records, from what was
15 described they certainly seemed to be of a traumatic
16 nature.

17 Q. And you don't dispute those findings?

18 A. Well, I do dispute some of the findings
19 since apparently the examiners dispute each other.

20 Q. Okay. Tell me what your opinion is
21 regarding that.

22 A. Well, Dr. Carver, who wrote one of the
23 admitting notes, noted a laceration on the right side
24 of the jaw and under the right eye, and now I quote
25 him, no other contusions noted. Now, Dr. Sam

1 Sheppard's brother, Steve, who was the physician of
2 record, stated on his initial workup on 7-4, large
3 ecchymosis entire face, laceration right cheek,
4 contusion, spasm at base of skull.

5 Then Dr. Sheppard, Steve Sheppard, sees
6 Sam on the same day with Dr. Foster, and Dr. Foster is
7 an ENT doctor, and together they note contusion with
8 extensive edema seen at base of skull posteriorly.
9 Neck discolored in front on the left, which, if you
10 remember, bears no relationship to what Dr. Carver told
11 us.

12 Then we go on to Dr. Elkins himself who
13 examined Sam on 7-4, but the neck brace is not removed,
14 and Dr. Elkins could not remember examining him on 7-5,
15 but does his examination, which turns out to be the key
16 exam here, on 7-6, and he says in regard to the head
17 and neck, neck tenderness, spasmodic contraction. He
18 doesn't describe anything.

19 Finally -- now, remember, that was
20 7-6 -- 7-4 Dr. Hexter, as you recall, was brought in by
21 Dr. Gerber and is the only M.D. other than Elkins in
22 this group, he is the only person that seen Sam up to
23 this time and has no interest. He's not a family
24 member, he's not a staff member, he has no relationship
25 to Bay Village. This is what he says, no contusions or

1 abrasions of the throat. Back of neck, no abrasions or
2 contusions, thick but edema. But he does agree that
3 Sam has abrasions.

4 In fact, in some ways he's more worried
5 about fractures than the others, which all prove to be
6 negative. But he does describe -- I can't find the
7 thing -- he does describe that Sam has discoloration
8 and so forth in and about the right face at an eye
9 level and at a cheek level on the right side.

10 Well, Counselor, that's a rather
11 interesting series of opinions I would say.

12 Q. What did you conclude from that?

13 A. Well, first of all, that those most
14 related and interested in Sam found a lot more, how
15 should we call it, physical damage, whereas the
16 individual that had no relationship professionally or
17 familywise to Dr. Sam Sheppard did not describe very
18 much.

19 Q. And you make opinions based on
20 relationships, is that what you do, as a reviewer of
21 medical records?

22 A. No. I ask myself -- of course I don't. I
23 ask myself this question, why is the fact that the
24 most -- how shall I put it -- deleterious injuries are
25 set forth by the individuals here who are most closely

1 related to Sam? One could argue, well, they're
2 concerned about Sam, they're very concerned about him.
3 Why is it that the individual who has no relationship
4 doesn't really find very much wrong here at all?

5 Q. How do you define relationship?

6 A. Well, in two ways, either family, by
7 blood, or else professional. You're a member of the
8 staff at Bay Village -- I'm sorry, Bay View, you
9 consult there, you have some relationship in a
10 professional sense to the Sheppard family.

11 Q. You find it interesting, that's all?

12 A. I find it very interesting.

13 Q. But you're not able to conclude based on
14 reasonable medical certainty that anyone lied or
15 exaggerated or distorted their observations, can you?
16 Are you prepared to make that opinion, Doctor?

17 A. Would you repeat the question?

18 THE NOTARY: Question:

19 "But you're not able to conclude based on
20 reasonable medical certainty that anyone
21 lied or exaggerated or distorted their
22 observations, can you? Are you prepared
23 to make that opinion, Doctor?"

24 A. I must have an explanation. There must be
25 an explanation. Under those circumstances I certainly

1 don't want to accuse somebody of professional
2 dishonesty, but at the moment I'm unable to explain the
3 incredible variations and contradictions that appear in
4 this record.

5 Q. Do you know whether Dr. Gerber had a bias
6 in this case?

7 MR. DEVER: Object.

8 BY MR. GILBERT:

9 Q. If you're looking at the relationship
10 between Dr. Sheppard and people who treated him from
11 Bay View or his family, did you also examine the
12 relationship between Dr. Hexter and Dr. Gerber in this
13 matter?

14 A. I have no idea what their relationship
15 was.

16 Q. Wouldn't that be a good idea?

17 A. No, I don't think so.

18 Q. You're assuming that Dr. Hexter was
19 neutral?

20 A. Yes. He was very reluctant as I read the
21 records. He had to be requested twice to go to
22 examine, he did not want to become involved in this
23 case.

24 Q. What were the incredible variations in
25 these diagnoses as you've called them?

1 A. Well, as you know, there was the issue of
2 the C-2 fracture.

3 Q. Well, the original question that I asked
4 you was about the face, the facial injuries. Do you
5 remember we were talking about that, then you went on
6 to the other areas. I really want to hold off on that
7 for a moment. Let's just talk about the facial
8 injuries.

9 What are the incredible variations that
10 you notice from doctor to doctor?

11 A. Well, those are your words, incredible.

12 Q. No, you said --

13 A. There are variations within the
14 description of the findings in and about the face and
15 neck. Now, if we read Dr. Hexter's review of Sam's
16 cephalic and cervical situation at the time it paints a
17 much more benign picture than the record shows as far
18 as Dr. Steve Sheppard is concerned and Dr. Foster who
19 examined Dr. Sam Sheppard with him.

20 The issue is very simple. Dr. Hexter
21 does indeed sustain the fact that Sam has had injuries
22 in and around the orbit and the jaw and the mouth, and
23 I have no reason to argue those were physically present
24 at the time, it's just that as I read the records and
25 consultations of each of the physicians that saw him

1 there's considerable variation, and perhaps far more
2 important in my judgment in and about the neck.

3 Q. But as far as the face, the variations are
4 not that significant, are they?

5 A. I don't think so.

6 Q. I just want to, you know, understand where
7 you're coming from because I know there are different
8 issues in this case and I want to deal with them
9 separately. Is that fine?

10 A. Fine.

11 Q. Was there not a finding that there was
12 ecchymosis to the right eye in one of the reports?

13 A. Well, I think that would be the right
14 orbit. Every single neurological examination done as
15 far as Dr. Sam Sheppard's eye was concerned fortunately
16 was normal. And when I speak of the eye I'm speaking
17 of the eye, per se. If you're talking about the lids
18 and the orbital structures, there were reports in which
19 basically there was ecchymosis and contusions in and
20 around the right orbit.

21 Q. So when you say it did not involve the eye
22 apparatus, what are you saying?

23 A. I'm talking about the eye apparatus.

24 Q. In terms of the actual eye itself?

25 A. The eye movement, vision, so on and so

1 forth. All the examinations were normal.

2 Q. And the teeth area, do you recognize that
3 there was some damage to the teeth?

4 A. Well, it's not a matter of my recognizing
5 it. All I can tell you is that there are consultations
6 that specifically state that there was damage to Dr.
7 Sam Sheppard's teeth; however, this was not confirmed
8 by Dr. Hexter, and even within that the degree and
9 extent of the number of teeth that were loosened, the
10 number that were chipped and so forth varies. You
11 know, that's understandable, but one certainly has to
12 accept the fact that some damage was rendered to the
13 orobuccal area under the circumstances.

14 Q. And the facial injuries, is that
15 consistent with an assault?

16 A. Yes, but it would be only one of many
17 causes.

18 Q. What is a concussion?

19 A. Well, a concussion is rather difficult to
20 define. Generally speaking if you look up in the
21 textbooks of neurology and neurosurgery you will find
22 that it's an incident in which the brain has been
23 disturbed but in such a way that it will return to
24 being perfectly normal. So we think of it primarily
25 built around a blow to the head, that is, to the skull

1 if you like or the tissues which eventually is
2 transmitted to brain. We also feel that with a
3 concussion that generally there's an issue of
4 unconsciousness, and this period may vary depending on
5 the degree of the concussion which soon sort of fades
6 into what we call a minor head injury.

7 Q. When you say minor head injury, what do
8 you mean by minor?

9 A. Well, by minor as opposed to moderate or
10 severe. It would be my argument in this case that if
11 the conditions that surround Dr. Sam Sheppard's alleged
12 involvement with an intruder are correct that they
13 should be more correctly arguing --

14 Q. What's the word?

15 A. -- they should be more correctly arguing
16 that this was a head injury.

17 Q. Now, what are the symptoms of a
18 concussion?

19 A. The symptoms of a concussion are generally
20 considered in the negative range except for the problem
21 of unconsciousness. Now, the difficulty is that when
22 you talk about the symptoms you're talking about a
23 patient who has had a concussion and, therefore, in the
24 period after the concussion may have a series of
25 symptoms, like problems with memory, headache,

1 cognitive disturbances and so forth. All of these are
2 issues that occur after the concussion has taken place.

3 Q. Do you want to say something else?

4 A. No.

5 Q. Why is it that you cannot diagnose a
6 concussion in this case?

7 A. Well, I need a database, I need evidence.

8 Q. You need a database?

9 A. I need some information. I don't have a
10 skull fracture.

11 Q. You're not saying that it didn't happen in
12 this case, you're just saying that you in reviewing
13 these records do not have enough information; is that
14 correct?

15 A. That's all I can say.

16 Q. When a patient comes in for treatment with
17 a head injury, much of what is learned is through the
18 patient himself; is that correct?

19 A. Providing he's awake, yes.

20 Q. And back in 1954 we did not have MRIs; is
21 that correct?

22 A. That's correct.

23 Q. So there was no real way of doing an
24 x-ray?

25 A. We had x-rays.

1 Q. What's that?

2 A. You had x-rays.

3 Q. But an x-ray wouldn't show a concussion;
4 is that right?

5 A. No. I'm not sure -- certainly a CT
6 wouldn't, and I'm not sure an MR necessarily would.

7 Q. So basically when you have diagnosed
8 concussions before your diagnosis, in part at least, is
9 based on the symptomatology as expressed by the patient
10 himself; is that correct?

11 A. Well, the patient or witnesses sometimes.

12 Q. What were the symptoms that Dr. Sheppard
13 presented with on July 4, 1954?

14 A. Symptoms in regard to what part of his
15 anatomy or physiology?

16 Q. Well, with respect to what he presented
17 with in terms of the notes and the observations from
18 the physicians that you reviewed. What were the
19 symptoms that he presented himself with?

20 A. Well, now where -- you mean --

21 Q. At the hospital.

22 A. At the hospital?

23 Q. Bay View Hospital.

24 A. At the hospital or at the home?

25 Q. Well, he wasn't officially diagnosed at

1 the home, was he?

2 A. Well, actually his brother raised the
3 issue, Steve raised the issue in testimony that he had
4 a concussion. In fact, he thought he was dead.

5 Q. Well, we have no medical records on a
6 diagnosis at the house, do we?

7 A. No, that's in testimony.

8 Q. I want to confine you to the medical
9 records in this case, okay?

10 A. Of course. Well, I could only read --

11 Q. What were the symptoms that Dr. Sheppard
12 presented himself with when he was admitted to the
13 hospital, Bay View Hospital, do you know?

14 A. Well, I can only read from what is
15 recorded within the consultations and the workup.

16 Q. What were the symptoms?

17 A. Well, there weren't very many symptoms at
18 all. I'm trying to find them at the moment. That's
19 the difficulty here.

20 One of the very first workups that we
21 have they just don't raise those issues. Now and then
22 they come up with the idea he's mildly confused. When
23 we go to the neurosurgeon who comes to see him, other
24 than Dr. Elkins who sees him on the afternoon of his
25 admission -- let me look at the master sheet here -- it

1 says it very calmly that he is alert and lucidly
2 answers questions. He speaks -- and this is a
3 neurosurgeon speaking. He says nothing about
4 confusion, says nothing about amnesia. He states very
5 frankly that he's alert and answers questions lucidly,
6 quoting directly from his consultation.

7 Q. Have you ever seen concussion cases where
8 anywhere from five to six hours after the concussion
9 people have regained some measure of lucidity even
10 though they had earlier suffered the typical symptoms
11 of a concussion?

12 A. Well, see, I wouldn't classify it as a
13 concussion. To me he had a head injury. And you're
14 now talking about somebody and that would very well be
15 appropriate in this case.

16 Q. So the fact that somebody was lucid --

17 A. The fact that somebody was lucid and
18 alert, Counselor, and could answer questions by a
19 neurosurgeon and the neurosurgeon made no commentary
20 here even though he made the diagnosis and when
21 challenged on that he said, well, you have to make a
22 diagnosis about concussion and that's what you've been
23 working at subjectively, which means, and he stated
24 that, have you to believe the patient.

25 Q. And you don't agree with that?

1 A. No, I don't agree with that for the simple
2 reason the patient can tell you anything that he wants,
3 there's no witnesses here.

4 Q. 11:00 in the morning was when the note
5 talked about patient lucid and talking to police
6 officers, correct?

7 A. No, I think that's a little later. I
8 think that Elkins shows up after his golf game in the
9 afternoon. He does not -- he has the same problem I
10 do, he did not date his consultation, but I'm pretty
11 sure it was in the afternoon.

12 Q. So the fact that perhaps 10 to 12 hours
13 had lapsed since the injury, the alleged injury, that
14 doesn't necessarily negate the injury because one is
15 lucid at that point; is that correct?

16 A. Well, with concussion, and as we study it
17 in its various classes, the lucidity and the alertness
18 could certainly be blunted even for a period of even a
19 day or two, and when you get down to retrograde amnesia
20 that can persist for even a longer period of time.

21 MR. GILBERT: Can you read
22 the question back?

23 THE NOTARY: Question:
24 "So the fact that perhaps 10 to 12 hours
25 had lapsed since the injury, the alleged

1 injury, that doesn't necessarily negate
2 the injury because one is lucid at that
3 point; is that correct?"

4 A. The answer to that question is that a
5 person who's had a very minor concussion could in that
6 period of time be perfectly normal.

7 Q. Thank you.

8 Was there a physician that noted mild
9 shock to Dr. Sheppard?

10 A. Yes.

11 Q. Just answer that question.

12 A. Well, I answered it, yes.

13 Q. Is mild shock a symptom that could be
14 associated with a head injury?

15 A. I've written on this, and in a very
16 special type. This is an area of my special interest,
17 and it's what we call brain stem shock. Shock as a
18 central system is much more associated with the spine,
19 and I've just written an article on spinal shock.

20 Q. Well, could you answer the question?

21 A. I answered the question. I said it can
22 be.

23 Q. I'm sorry.

24 A. But a very special type, not applicable to
25 this case.

1 Q. Now, what is a muscle spasm?

2 A. Well, it's sort of a contraction of the
3 muscles where there's almost an irregular -- it comes
4 in various forms. Some of them there could actually be
5 continuous activity, but generally speaking the muscle
6 tightens and in the process the form often becomes
7 relatively hard so that you can feel it. It can be
8 painful.

9 Q. If a physician in this case noted a muscle
10 spasm at the base of the skull and at the back of the
11 neck, do you have any reason to dispute that that
12 physician noted that and saw that?

13 A. Well, if that was his professional
14 judgment, no.

15 Q. Is a muscle spasm consistent with a neck
16 injury?

17 A. Yes.

18 Q. Is it an objective finding?

19 A. No. It can be pretended.

20 Q. On July 6th --

21 A. The neurosurgeon comes.

22 Q. The report, yes. His report dated July
23 6th, you're familiar with that; is that right?

24 A. Yes.

25 Q. The patient complained of urgency on

1 urination and this morning when attempting to pass gas
2 soiled his sheet with fecal material. Does that mean
3 anything to you?

4 A. No.

5 Q. That could be fake, too?

6 A. No, no, no. The thing is, first of all,
7 you put a young man Sam Sheppard's age to bed the first
8 thing that's going to happen is he's going to develop a
9 problem in terms of urination, that's only common.
10 You'll remember if you refer back -- you want to
11 remember with spinal cord injuries, even though
12 Elkins -- I don't know where he got these ideas, this
13 is not so much a progressive thing.

14 If you have a spinal cord injury you're
15 going to see the worst of the worst generally speaking
16 at the time. You'll note that when he interrogated him
17 on the 4th there are no problems with urination, now he
18 says there are, but only in the form of urgency.

19 Now, the issue as far as the bowels are
20 concerned -- and incidentally, he did not do an anal
21 reflex on the 6th, he did not test the anal reflex --
22 there's nothing in the nurse's notes to support that
23 particular entry on the part of Dr. Elkins. In other
24 words, the nurses made no note that the sheets were
25 soiled, there's nothing in the nurse's notes. But even

1 if this is a fact -- and I have to take what Dr. Elkins
2 says -- it does not concern me.

3 Q. In the diagnosis section of the -- there's
4 a printed copy of that report, do you have that?

5 A. Well, I can read his writing.

6 Q. He noticed a numbness of the ulnar sensory
7 distribution left -- ulnar sensory distribution left.
8 Do you note that?

9 A. Yes.

10 Q. What is that?

11 A. Well, what he's saying is that in an area
12 very close to the left hand here involving the thumb
13 and probably the fourth finger on the inside that Sam
14 complains of some numbness in this particular area, and
15 this is served by a branch of the ulnar nerve. He also
16 goes on to say that he feels that some of the small
17 muscles of the fingers, the interossei, are weak.

18 Q. Is that a finding consistent with a head
19 injury? Could it be?

20 A. I would say no. But he was using that as
21 a spinal cord injury, and it is not a spinal cord
22 injury.

23 Q. Is that something that could be faked?

24 A. Yes. Dr. Elkins admits that.

25 Q. A local examination that he found

1 tenderness over spinous process of C-2 with spasmodic
2 contractions of cervical muscles to pressure, is that a
3 finding that is consistent with a head injury?

4 A. I'd say more consistent with something
5 like a neck injury.

6 Q. Are you saying that there was no neck
7 injury in this case?

8 A. That's correct.

9 Q. There was not a blow to the back of the
10 neck?

11 A. Well, I can't do that. All I'm saying is
12 he did not have a fracture, nor was this transmitted in
13 any way to his spinal cord. Now, if you want to tell
14 me that in the process of what's happened here -- and
15 I've already admitted that it's traumatic as far as the
16 right face is concerned, and this extended as far as
17 the neck is concerned, fine, but there's no evidence
18 there. There's no discoloration, there's no swelling
19 there, there is some tenderness, but that can all
20 basically be on the part of the patient, Counselor. He
21 can say this is tender back here where you're pushing.

22 Q. But that is to a large extent the only way
23 that physicians can examine for a head injury, like a
24 mild concussion where there is no necessarily physical
25 objective evidence; is that correct?

1 A. Well, he has some evidence. He's got
2 swelling and soreness on the right side of the face,
3 and even in Hexter's writeup he's so concerned he
4 wanted pictures of the skull, he wanted x-rays of the
5 skull.

6 Q. One can get hit in the back of the neck
7 and there's no discoloration; is that correct?

8 A. Yes.

9 Q. And they could have a head injury,
10 correct?

11 A. Right.

12 Q. Basically what a concussion is from my
13 understanding is that some kind of trauma causes the
14 brain to move inside the skull and causes the things
15 that we've talked about, right?

16 A. Well, generally speaking, it's not so much
17 the movement of the brain for a concussion, there has
18 to be something to interfere with the metabolic
19 activity of the brain, the blood has got to stop
20 momentarily or where there's an impaction on the brain.
21 Now your movement that the brain floats is absolutely
22 correct. All these physical examples you give are
23 correct.

24 Q. The physical examination that Dr. Elkins
25 did with respect to reflexes, were those proper

1 examinations that were in line with the standard of
2 care in diagnosing head injuries back in the '50s?

3 A. No, I would say no, it's incomplete.

4 Q. Maybe it's incomplete, but doing
5 examinations on reflexes is part of it at least, is it
6 not?

7 A. Yes, sir, it is.

8 Q. And he noted some absences in reflexes?

9 A. Yes, he did.

10 Q. Is that consistent with a head injury?

11 A. No.

12 Q. It's not, why is that?

13 A. Well, the situation here is that even Dr.
14 Elkins is looking at these absent reflexes in relation
15 to the spinal cord, he is not attributing these in any
16 way to the concussion. The concussion is such a minor
17 event for the brain it doesn't leave any fingerprints
18 in the way of reflexes. The issue here is do these
19 reflexes that Dr. Elkins argues are not present reflect
20 damage to the spinal cord.

21 Q. As I understand what you're saying is that
22 Dr. Elkins was looking at reflexes and things like that
23 in connection with the spinal cord, alleged spinal cord
24 injury?

25 A. Yes, sir.

1 Q. Is that correct?

2 A. Yes, sir.

3 Q. And those would be proper tests to do or
4 examinations to do in connection with that issue; is
5 that correct?

6 A. They would be appropriate to both, but in
7 his examination he has granted him a concussion, and,
8 as I say, generally speaking we don't see neurological
9 findings with a concussion, nor does Dr. Elkins report
10 them. What he's reporting here are changes in his
11 opinion in the form of absence of reflexes, numbness
12 and even some weakness in his hand that has driven him
13 to the diagnosis of spinal cord contusion.

14 Q. And you did read the testimony of Elkins
15 and he claimed that he detected abnormalities?

16 A. Well, the absences are his abnormality.

17 Q. You have no reason to believe that he's
18 lying about those, do you?

19 A. Well, as he indicates, the most important
20 one would be the weakness in the hand, and he's arguing
21 under testimony that, yes, Sam could actually pretend
22 that situation. And, remember, if I recall, it was Sam
23 who brought to his attention the numbness.

24 Q. What about the abdominal muscle reflex
25 absent?

1 A. Well, the difficulty with all of this is
2 that even if we -- well, the first thing that Dr.
3 Elkins noted, going back to the left extremity, is that
4 there was a reflex that was absent in the left
5 extremity, that was the triceps. Now, for the very
6 first time in all the examinations that were done on
7 the 4th and presumably on the 5th nobody had described
8 an absence of the triceps reflex, which is of course up
9 in the arm. As a matter of fact, Dr. Hexter was able
10 to obtain a triceps reflex.

11 Dr. Elkins says the one on the right
12 side is fine, the one on the left side on the 6th is
13 absent. Dr. Hexter examining Sam on the 4th found a
14 triceps on both sides. Another example of the
15 difference in the examinations.

16 Now, going to your abdominals. The
17 first person that found the left abdominal reflex was
18 actually Hexter. Hexter was the only one that reports
19 on the 4th an absent left abdominal. Dr. Elkins on the
20 6th reports the absence of a left abdominal. The
21 problem with all of this is even Dr. -- they don't know
22 how to do abdominal examinations. These are relatively
23 unimportant as the testimony was brought out. These
24 you cannot take as isolated events, and I'll tell you
25 why.

1 First of all, if you're overweight you
2 won't get abdominals, if your stomach is distended you
3 won't get abdominals, if you've had surgery on it you
4 won't get abdominals. Granted, all of these are not
5 the case with Sam. Emotional stress, you won't get
6 abdominal reflexes. They're actually just not in the
7 same ballpark as, say, your triceps and, above all,
8 your Babinski.

9 The Babinski is a pathological reflex,
10 and it was never, never found to be abnormal in Sam,
11 which would go back to both your head injury and your
12 spinal cord injury. So my problem -- well, then on top
13 of that he has a lumbar puncture which is perfectly
14 normal. I just don't have any evidence, Counselor, to
15 make these diagnoses.

16 Q. Well, let me ask you, the x-ray that Dr.
17 Flick, the radiologist, took on the 4th, give me your
18 rundown on that.

19 MR. DEVER: Which one?

20 MR. GILBERT: The first one.

21 A. Of course I've never seen these.

22 Q. The C-2 fracture?

23 A. I've never seen those.

24 Q. I understand. We don't have those.

25 If you had the x-ray would that be

1 helpful?

2 A. Of course. I've read thousands of these.

3 Q. So there was a report, though, correct?

4 A. Well, we don't know when it was typed. We
5 had some difficulty in working through the testimony as
6 to when it was typed.

7 You're also told something interesting,
8 Dr. Flick doesn't know quite who put or how the x-rays
9 got on his desk, those of the 4th. There seems to be
10 some confusion about that set of x-rays for the 4th.
11 He writes in the chart himself that the skull films are
12 perfectly normal.

13 Now, he did describe -- and it is in
14 his report -- that he identified a chip fracture at the
15 posterior inferior area of the spinous process of C-2,
16 you're absolutely correct, but all of that is
17 eventually taken back in formal testimony because the
18 sets of films are done over and over again. Dr. Flick
19 of course was dead for the second, but in the first he
20 admitted that this was an artifact and not a fracture.

21 Not only that, what's very disturbing
22 here, Counselor, is that that particular film which
23 allegedly had a dark streak on it -- the film is this
24 little thing that Dr. Flick went backwards and forwards
25 with and then with additional films decided it was not

1 a fracture there.

2 There's another interesting finding at
3 C5-C6, there's a great big hunk of hypertrophic bone
4 which we don't know how it got there. There's a big
5 piece of hypertrophic bone, which is much more obvious
6 on those films than the fracture which wasn't even
7 there, we never see that again. And that raises the
8 issue -- and even Dr. Flick addressed that -- what
9 happened, where did those films go? Were we looking at
10 a different set of films the first time around? You
11 cannot wipe out that piece of calcium. So the films
12 number two and number three sets at 72, that does not
13 appear.

14 Q. The hypertrophic bone?

15 A. Right. Where did that go, one of the
16 great mysteries.

17 Q. So based on --

18 A. I have no evidence, Counselor, that I can
19 make the diagnosis that I stated in my opinion piece.
20 I do not have the data to make a diagnosis that I used
21 to make every day day in and day out.

22 Q. You did review the report of Dr. Bashline
23 on August 6th; is that correct?

24 A. Yes. May I ask, who is he and how did he
25 become involved in this case?

1 Q. Well, that's something you can ask your
2 lawyers in the prosecutor's office. But you do
3 recognize that there was a Dr. Woodrow Bashline who
4 examined Dr. Sheppard on August 6th, 1954?

5 A. Yes. May I have his report, Counselor?

6 Q. Here. See if you have it.

7 A. Yes, I've got it.

8 Q. Do you know if he was a neurologist or
9 neurosurgeon?

10 A. No, I have no idea what his specialty is.
11 I suspect he is a general practitioner.

12 Q. Do you have any problems with his findings
13 in this case?

14 A. Only in that they don't square with any of
15 the findings.

16 Q. In what way?

17 A. First of all, now he's speaking of slight
18 muscular atrophy of the entire left arm, whereas Dr.
19 Elkins spoke only of weakness of the interossei, the
20 muscles in the hand.

21 Q. What else did you find?

22 A. Well, he does speak here, there was
23 weakness of the adductors of the left hand, which would
24 be an ulnar nerve finding, but his workup is so
25 fragmentary that it's very difficult to compare it

1 above all with Dr. Elkins' exam. And, remember, he's
2 conducting this thing at least sometime later.

3 Q. He did find the left arm presented
4 demonstrable weakness of grip of hand; is that correct?

5 A. That's correct.

6 Q. You're saying that's inconsistent with the
7 report of Dr. Elkins?

8 A. Dr. Elkins indicates that only the
9 interossei -- and if it's of the ulnar nerve it's only
10 several of the digits, it does not involve the entire
11 hand. In other words, he's reporting more damage here.

12 Q. Well, he doesn't say -- he just says
13 demonstrable weakness of grip of hand, right? He
14 doesn't talk about how many fingers.

15 A. He says there was weakness of the
16 adductors of the left hand. Those are specific
17 interossei muscle.

18 Q. Where does the word adductors appear?

19 A. It appears on the page where he gives his
20 impression.

21 Q. I'm sorry. And the adductors are what?

22 A. Those are the ones that move the fingers
23 in together.

24 Q. He didn't say all the adductors of the
25 left hand?

1 A. No, he just said adductors of the left
2 hand.

3 Q. He did find a traumatic hyperflexion
4 injury of the cervical spine?

5 A. I repeat, of the spine. He says nothing
6 about the spinal cord here.

7 Q. Is that consistent with an injury to the
8 head?

9 A. No.

10 Q. Is it consistent --

11 A. It's a different diagnosis completely.

12 Q. Is it consistent with an injury to the
13 neck?

14 A. Yes. Listen, don't miss what he said
15 here, and this is very important, with radiculitis of
16 the left arm.

17 Q. You cut me off before I was able to read
18 the whole thing.

19 A. I would never cut you off.

20 Q. When I stopped at cervical spine you --

21 A. You asked me --

22 Q. You jumped in before I read the whole
23 sentence.

24 What does the radiculitis of the left
25 arm mean? What does that mean?

1 A. Radiculitis, we never heard this word
2 before.

3 Q. You never heard of that word before?

4 A. I've heard of that word before, but I
5 haven't seen it anywhere in this entire multi-medical
6 workup.

7 Q. What is it?

8 A. It has to do with the roots, the little
9 roots that bring up the nerves and everything. It's
10 back and very consistent in what these people have been
11 talking about if there was damage to the little nerves
12 that leave the spinal cord, come together to form
13 nerves like the ulnar nerve. It's like when you have a
14 disk, you know when you have a disk.

15 Q. Is it consistent with a neck injury?

16 A. Yes.

17 Q. And is it consistent with an assault to
18 the neck?

19 A. Yes.

20 Q. Is it consistent with someone with a
21 concussion?

22 A. No, it's totally different. It's a
23 totally different diagnosis.

24 Q. What is it consistent with?

25 A. It's consistent, as you said, with a form

1 of injury.

2 Q. And it's a nerve injury?

3 A. It's a nerve injury. But also remember --

4 Q. That's all I asked.

5 A. All right.

6 Q. Now, what is a contusion?

7 A. Well, a contusion is something in which
8 there is physical evidence that damage has been done.
9 We're talking about contusion to the nervous system and
10 specifically contusion to the spinal cord. Under those
11 circumstances there's physical evidence of damage.

12 Q. What are the physical --

13 A. Some hemorrhage.

14 Q. You have to have hemorrhage?

15 A. Well, you don't have to, but hemorrhage
16 would be one of the possibilities.

17 Q. Let me just get it straight now.

18 A. I'm going to list --

19 Q. Are you saying there has to be physical
20 damage seen?

21 A. Well, that's how we make the diagnosis. A
22 contusion, whether it's in your skin or whether it's in
23 your spinal cord, is a recognizable visual lesion.

24 Q. How do you see a contusion in the spinal
25 cord?

1 A. Well, you see hemorrhage.

2 Q. Okay, that's one thing.

3 A. You see bruising, tissue damage.

4 Q. Where do you see the bruising?

5 A. You see the bruising right where the

6 impact was.

7 Q. Because of the hemorrhage?

8 A. Hemorrhage, breakdown of cells and tissue

9 damage.

10 Q. What breakdown of what cells?

11 A. Well, you can break down the neurons if

12 you want, the astrocytes.

13 Q. How do you see that?

14 A. Well, it's very often physical, or I

15 suppose if it was small enough you'd see it

16 microscopically.

17 Q. How do you get to see those cells?

18 A. One of the things you can do is you can do

19 a lumbar puncture. What you see on a lumbar puncture

20 is, number one, you usually see some hemorrhage and you

21 may see some increase in protein.

22 Q. What is it that you cannot -- well, let me

23 just ask you this, do you need to have a fracture for a

24 contusion of the spinal cord?

25 A. No.

1 Q. And can you tell me why is it that a
2 diagnosis of spinal cord contusion cannot be sustained
3 in this case?

4 A. Well, first of all, Dr. Elkins doesn't
5 sustain it, the man who made the diagnosis reversed
6 himself. How can I do better than that?

7 Q. Okay.

8 A. The reason he did was there was not enough
9 evidence.

10 Q. So he was honest about that, right?

11 MR. DEVER: Objection.

12 A. I don't know whether he's honest or not,
13 all I can tell you is that he reversed himself.

14 Q. Is that necessarily a bad thing?

15 A. Well, it's not necessarily a bad thing,
16 but what I challenge Dr. Elkins on is I can't put
17 together an injury of the spinal cord in any form on
18 the basis of his examination or his writeup, that's my
19 problem.

20 Q. And he agreed with that, right?

21 A. Well, he agreed that there was no
22 contusion, eventually he agrees there's no contusion.
23 Whether or not he now flipped over to a concussion,
24 which I think he did, he's now saying that it was a
25 cerebral concussion and there was a spinal cord

1 concussion, that he had overread the symptoms,
2 findings, complaints, examination, whatever it was, and
3 made a wrong diagnosis of contusion.

4 Q. Isn't it, though, an ongoing evaluation
5 sometimes in these injuries, that the patients do see
6 the physician over time and look at the improvement or
7 lack of improvement of symptoms and then adjust their
8 original opinion, is that abnormal?

9 A. I think what's abnormal here is that Dr.
10 Elkins has testified that in all probability Sam's
11 neurological situation was perfectly normal on the 4th
12 and the 5th and was abnormal on the 6th and then he
13 gets better. He's talking about something like this
14 (indicating), which in my judgment has no relationship
15 to a spinal cord.

16 Q. He did not do a thorough examination as he
17 explained on the 4th?

18 A. Well, he did not do a thorough examination
19 on the 4th.

20 Q. He admitted that?

21 A. But he's been quoted in testimony here
22 that in his judgment in all probability Sam's
23 neurological status was normal for the first 48 hours.

24 Q. In answer to my question why a diagnosis
25 of spinal cord contusion cannot be sustained in this

1 case was, number one, Dr. Elkins reversed himself?

2 A. No. I just can't make the diagnosis on
3 the basis of the information that is in his hospital
4 chart, including Dr. Elkins' two notes.

5 Q. And the lack of hemorrhaging or bruising,
6 does that necessarily rule out a contusion of the
7 spinal cord?

8 A. Would you repeat that?

9 THE NOTARY: Question:

10 "And the lack of hemorrhaging or bruising,
11 does that necessarily rule out a contusion
12 of the spinal cord?"

13 BY MR. GILBERT:

14 Q. Does the absence of hemorrhaging or
15 bruising visible to an examination necessarily rule out
16 the existence of a spinal cord contusion?

17 A. Not a hundred percent. But it would be
18 the major consideration to make the diagnosis.

19 Q. I mean if you had that --

20 A. I mean, you can't make a diagnosis of a
21 contusion, which is synonymous with bruising, because
22 you could write in your report patient has a bruise of
23 the spinal cord.

24 Q. But the fact that one does not have
25 visible signs of hemorrhaging doesn't mean they don't

1 have a contusion, correct?

2 A. Well, but you would expect that reflected
3 in the spinal fluid, which was negative.

4 Q. All right. The fact that there is a
5 spinal tap and no fluid, does that --

6 A. Well, there is fluid.

7 Q. Well, whatever. The negative findings of
8 a lumbar puncture does not mean that there is no spinal
9 cord contusion, does it?

10 A. Well, it makes it very, very iffy. It
11 can't completely eliminate it. But we keep going
12 around, Counselor, when Dr. Elkins himself has finally
13 agreed that this patient did not have a bruise or a
14 contusion of the spinal cord.

15 Q. Do you know of a spinal cord injury
16 without objective radiograph abnormality? Have you
17 ever heard the term sciwor, S-C-I-W-O-R?

18 A. It happens all the time.

19 Q. So there can be a spinal cord injury
20 without objective radiograph abnormality?

21 A. Well, for 1954 that's true, but it's very
22 difficult now if you want to throw in MRI, and even CT,
23 but particularly MRI.

24 Q. The fact of the matter, isn't it true that
25 spinal taps or lumbar punctures aren't done today?

1 A. They're still done.

2 Q. But not very frequently?

3 A. Because you now have these imaging things.

4 Q. Isn't a spinal tap a serious procedure?

5 A. I don't think so.

6 Q. Isn't it true that a doctor would not do a
7 spinal tap without proper symptomatology?

8 A. No. He might do it for the very reasons
9 that Dr. Elkins did it, on the basis of what he found
10 on his studies and his belief he was probably expecting
11 to find some hemorrhage and some high protein, which he
12 didn't.

13 He also did a test, though, which was
14 called a Queckenstedt test, which I think was very
15 dangerous to do under those circumstances.

16 Q. And a doctor wouldn't do a test like
17 that --

18 A. Certainly I wouldn't, even in '54.

19 Q. Let me finish the question. A doctor
20 would not do a test like that unless they thought that
21 there was a serious injury that needed to be looked at;
22 is that correct?

23 A. No. That wouldn't be the reason they did
24 the test, no, sir.

25 Q. What would be the reason to do the test?

1 A. They would do the test if they thought
2 there was what we call a spinal block. If there was so
3 much swelling and there was a tumor or something of
4 that order, which is not applicable here, they would do
5 the test, which is done rarely now, to see if there was
6 a spinal block.

7 Q. It's a dangerous procedure?

8 A. In this case, in a spinal cord injury it's
9 a dangerous procedure.

10 Q. Are you aware of the Advanced Trauma Life
11 Support for Doctors Manual?

12 A. Yes.

13 Q. Have you seen this manual before?

14 A. Well, at one time I helped compose it. I
15 don't have much to do with it anymore.

16 Q. You know Dr. Fallon is here, do you not?

17 A. Yes, I do.

18 Q. He is a trauma expert?

19 A. Yes.

20 Q. In that manual it briefly describes what a
21 mild concussion is. I'm going to ask you if you just
22 agree with this. A mild concussion is an injury which
23 consciousness is preserved but there is a noticeable
24 degree of temporary neurological dysfunction. These
25 injuries are exceedingly common and, because of their

1 mild degree, often go unnoticed. The mildest form of
2 concussion results in confusion and disorientation
3 without amnesia. This syndrome is completely
4 reversible and is not associated with any major
5 sequelae. A slightly greater injury causes confusion
6 with both retrograde and antegrade amnesia.

7 Do you have any problem with that
8 definition?

9 A. I may have written it for them, I'm not
10 sure.

11 Q. So you have no problem with that?

12 A. No. What I would tell you is we're
13 entering an area that is very argumentative because
14 you've got neurologists. This section was undoubtedly
15 written by a neurosurgeon for them carried over for
16 many years. As to how long, how many systems, it's a
17 very, very complex case.

18 As I told you, if the alleged situation
19 happened to Dr. Sam Sheppard I would have classified
20 him as having had a major head injury under these
21 circumstances. The second feature is that he allegedly
22 was unconscious for a second time, because, remember,
23 unlike the thing that's been read here you're proposing
24 that Dr. Sam was unconscious. In the two that you've
25 read here for me there's nothing about unconsciousness,

1 so you have to move his alleged concussion up the
2 scale.

3 Q. So let's look at the classic cerebral
4 concussion. The classic cerebral concussion is an
5 injury that results in a loss of consciousness.

6 A. What was the one you read before?

7 Q. That was mild.

8 A. Yes. See what I mean?

9 Q. Do you disagree that the classic cerebral
10 concussion is an injury that results in a loss of
11 consciousness, the condition always is accompanied by
12 some degree of post-traumatic amnesia, and the length
13 of amnesia is a good measure of the severity of the
14 injury?

15 A. I don't agree with the very last part.
16 When we opened our little session you --

17 Q. The loss of consciousness is transient and
18 reversible?

19 A. That's right.

20 Q. And in a somewhat arbitrary definition,
21 the patient returns to full consciousness by six hours,
22 although this may occur earlier. Many patients with
23 classic cerebral concussion have no sequelae other than
24 amnesia for the events relating to the injury, but some
25 patients may have more long lasting neurologic

1 deficits. These include memory difficulties, dizziness
2 and nausea, anosmia and depression amongst others.
3 This is referred to as a post-concussion syndrome and
4 may be quite disabling.

5 Is there anything that Dr. Sheppard
6 describes -- you read his statements -- that is
7 inconsistent with that definition?

8 A. Yes.

9 Q. What is that?

10 A. Almost everything.

11 Q. What is it?

12 A. Well, first of all, I don't see any
13 retrograde amnesia here. I don't see -- Sam, as you
14 recall, was described as lucid and able to answer
15 questions.

16 Q. That was how many hours?

17 A. Well, whenever -- you'll have to tell me.
18 All I know is that was done in the afternoon. All I
19 can tell you in these writeups that I reviewed -- I
20 didn't examine Dr. Sheppard -- there may be an
21 occasional one who quotes some confusion, but as I
22 listened to what Sam was able to do after all of this
23 and when I looked at his own testimony I don't see an
24 awful lot of amnesia, I don't see a lot of confusion, I
25 don't even hear the word dizziness anywhere in this

1 presentation. All I can say is as I read all of the
2 testimony I cannot find that it fits very well within
3 the framework of the post-concussive syndrome as far as
4 Dr. Sheppard is concerned. You'll never see the term
5 post-concussive syndrome in any of these reports.

6 Not only that, Sam was never subjected
7 to an EEG, Sam never had psychometric tests done. He's
8 back on the wards on the 12th, he's back making rounds
9 at the hospital on the 12th. He's had no checkups in
10 these areas. The standard things that could have been
11 done in '54 to document the degree of post-concussive
12 syndrome were not done. So I don't have the evidence.

13 Q. I guess we have to stop. I could probably
14 spend another hour with you.

15 Just one other question. Looking at
16 whatever wounds that you were able to determine exist
17 in this case do you have an opinion as to whether they
18 could be self-inflicted or not?

19 A. I believe they could be self-inflicted,
20 but I don't put them down as a major possibility. I
21 like the concept that this could be the victim
22 attempting to protect herself under these circumstances
23 or they could be brought about by falls. I would put
24 those two one, two and three, self-inflicted I would
25 put down as the third. But, Counselor, I would also

1 argue that also I would have to submit and believe that
2 the extent in this particular situation, the degree has
3 been heavily influenced here.

4 Q. What do you mean by that?

5 A. Well, by Sam's own testimony, I was
6 unconscious, I was hit from behind. All these sorts of
7 things, I don't see the evidence for it. He doesn't
8 even have a fracture of the spine.

9 Q. You need a fracture of the spine to --

10 A. No, but it helps, it helps. It really
11 does help. I need a neurological examination that says
12 you've got spinal cord injury, I don't see that.

13 MR. GILBERT: Thank you. I
14 have no further questions.

15 MR. DEVER: You have an
16 opportunity to read this transcript.

17 THE WITNESS: Oh, yes, I
18 will want to read it before I sign it.

19 - - -

20 (DEPOSITION CONCLUDED.)

21 - - -

22

23

ROBERT J. WHITE, M.D. (Date)

24

25

- - -


1 STATE OF OHIO,) CERTIFICATE
2 COUNTY OF CUYAHOGA.) SS:

3 I, LAUREN I. ZIGMONT-MILLER, Registered
4 Professional Reporter and Notary Public within and for
5 the State of Ohio, duly commissioned and qualified, do
6 hereby certify that the within-named witness, ROBERT J.
7 WHITE, M.D., was by me first duly sworn to tell the
8 truth, the whole truth and nothing but the truth in the
9 cause aforesaid; that the testimony then given by him
10 was reduced to stenotypy in the presence of said
11 witness, and afterwards transcribed by me through the
12 process of computer-aided transcription, and that the
13 foregoing is a true and correct transcript of the
14 testimony so given by him as aforesaid.

15 I do further certify that this deposition was
16 taken at the time and place in the foregoing caption
17 specified.

18 I do further certify that I am not a relative,
19 employee or attorney of either party, or otherwise
20 interested in the event of this action.

21 IN WITNESS WHEREOF, I have hereunto set my hand
22 and affixed my seal of office at Cleveland, Ohio, on
23 this 12th day of February 2000.

24 
25 Lauren I. Zigmont-Miller, RPR and Notary
Public in and for the State of Ohio.
My commission expires December 3, 2000.